

1 A Yes, sir.

2 Q And perhaps in the near future we will be
3 able to read latent prints and identify them that
4 we are not able to do today?

5 A It's a possibility.

6 MR. RUCKER: Thank you, sir. No further
7 questions. Thank you.

8 MS. EASTMAN: I have nothing further for
9 this witness.

10 THE COURT: May the witness be excused?

11 MS. EASTMAN: He certainly may.

12 (Witness stood down.)

13 THE COURT: Who is your next witness?

14 MS. WALKER: Carol Palmer.

15 THE COURT: Carol Palmer.

16 (Witness was sworn.)

17 Thereupon,

18 CAROL PALMER

19 Called for examination by counsel for the
20 Commonwealth, having been duly sworn, was examined
21 and testified as follows:

22 DIRECT EXAMINATION

23 BY MS. WALKER:

1 Q Would you please state your name.

2 A My name is Carol Palmer.

3 Q And by whom are you employed?

4 A I'm employed by the Virginia Division of
5 Forensic Science Northern Laboratory in Fairfax,
6 Virginia.

7 This is part of a crime laboratory system
8 for the State of Virginia.

9 Q And what is your position in the lab?

10 A I'm a forensic scientist senior in the
11 forensic biology section of our laboratory.

12 Q And could you explain what a forensic
13 scientist is?

14 A A forensic scientist is an individual who
15 applies scientific matters -- scientific issues to
16 matters of law.

17 Q And do you have a particular field within
18 the biology field?

19 A Yes. In the forensic biology section, I
20 routinely analyze items of evidence submitted from
21 criminal investigations for the presence of blood
22 and other body fluids, such as seminal fluid, and I
23 conduct DNA testing on those items.

1 Q How long have you been employed at the
2 lab?

3 A For over ten years.

4 Q And can you tell us a little bit about
5 your academic background?

6 A I have a Master of Science degree in
7 forensic science from Virginia Commonwealth
8 University in Richmond.

9 I have a bachelor's degree in both
10 chemistry and criminology from the University of
11 Pennsylvania as well as several bachelor level
12 credits in molecular biology from George Mason
13 University.

14 Q Do you have any special training in
15 serology and in DNA examination?

16 A Yes. Throughout my career at the
17 Division of Forensic Science, I have had training
18 in the fields of serology and DNA testing.

19 Q How often do you analyze evidence for the
20 presence of blood or other body fluids?

21 A As a daily task that I can do in the lab.

22 Q And once you determine that there is a
23 presence of some type of fluid such as blood or

1 some other fluid, who do you do then?

2 A After I have examined an item of evidence
3 and determined, if possible, what biological
4 material is present, such as blood, and if needed,
5 I can then conduct a form of DNA testing.

6 Q And could you explain a little bit of
7 what that means to be a DNA examiner?

8 A Basically in the laboratory, I will
9 examine the item of evidence, determine what stain
10 exists and then conduct DNA testing using PCR,
11 which stands for Polymerase Chain Reaction.

12 Q Now, do you extract DNA from both crime
13 scene evidence and known samples?

14 A Yes.

15 Q And approximately how many samples have
16 you examined in the DNA process?

17 A I have been doing this for over six
18 years, so it would be in the thousands.

19 Q Are you a member of any social
20 organizations related to forensic science?

21 A Yes. I'm a member of the Mid-Atlantic
22 Association of Forensic Scientists.

23 Q And have you previously testified in

1 courts before as an expert in serology and DNA
2 examination?

3 A Yes, I have.

4 Q In what courts?

5 A I have testified in all of the
6 jurisdictions up here, Arlington, Alexandria,
7 Fairfax, Prince William as well as some in the
8 Richmond and the Tidewater area as well as in
9 Montgomery County, Maryland.

10 MS. WALKER: I would move her in as an
11 expert.

12 THE COURT: Any voir dire?

13 MS. WOLFE: No, Your Honor.

14 THE COURT: Ladies and gentlemen of the
15 jury, you will receive Ms. Palmer as an expert in
16 forensic science.

17 BY MS. WALKER:

18 Q Now, could you explain what DNA is?

19 A DNA stands for deoxyribonucleic acid.

20 And basically DNA can be thought of as like a
21 blueprint for your body just as you have a
22 blueprint to build a house that tells you where the
23 walls and windows should go.

1 DNA tells your body what color your eyes
2 should be, what color your hair should be or how
3 tall you are.

4 And the DNA that you have in your body,
5 you get half from your mother and half from your
6 father.

7 Therefore, when someone says you have
8 your father's eyes and your mother's nose, that's
9 because your DNA comes from your parents.

10 Q And where is it located in the body?

11 A The DNA that I test for is found
12 throughout your body in cells which have what we
13 call a nucleus, which is just kind of a control
14 center of the cells that are throughout your body.

15 Q Would that include blood cells?

16 A Yes. It is everywhere. It would be in
17 your blood, your skin, your saliva, the seminal
18 fluid, all of those contain these cells which would
19 have the DNA that I test.

20 Q And your sweat glands as well?

21 A Yes.

22 Q And do the cells differ at all from cell
23 to cell?

1 A Meaning the DNA within the cells?

2 Q Yes.

3 A Your DNA is going to be the same
4 throughout all of the cells.

5 Q And does DNA differ from person to
6 person?

7 A With the exception of identical twins,
8 everyone's DNA is different.

9 If you will look around, you will see
10 that all of us look different. Our DNA is
11 different. If you look at identical twins, they
12 are identical. So, therefore, their DNA is the
13 same.

14 Q And when you are doing the DNA
15 examination, what are you looking for?

16 A When we're conducting the DNA testing in
17 forensics, what we are trying to do is possibly
18 differentiate between two individuals.

19 Q And what is your final goal in doing
20 that?

21 A What we do is I would conduct DNA testing
22 on evidentiary samples, such as a stained blood
23 swab from a sidewalk, and compare it to the DNA

1 profiles that I establish for individuals possibly
2 involved.

3 And what we're trying to do is see if
4 this person can be eliminated from being a
5 contributor of the DNA profile that was obtained
6 from that stained swab.

7 Q So you do not come to a conclusion that
8 such and such a person is that person, is the
9 person who committed it. Is that correct?

10 A No. We look at it from an elimination
11 factor, whether they can or can not be eliminated.

12 Q Now, did you do some DNA analysis in this
13 particular case?

14 A Yes, I did.

15 Q And you mentioned before PCR. What sort
16 of testing did you use for this particular case?

17 A The method of DNA testing that I used is
18 referred to, as I said before, PCR, which I have
19 explained stands for Polymerase Chain Reaction.

20 And what this method does is if you would
21 take a piece of DNA, if you think of it like a long
22 link chain, and one of those areas is a link of
23 DNA, an area of DNA, what PCR will do to that area

1 is just make copies of it just like you would take
2 a piece of paper and put it on a copy machine and
3 make copies of that document.

4 This is what PCR does to DNA. I can take
5 the DNA and, using the PCR method, copy the amount
6 of DNA present.

7 Q And would you explain what the PowerPlex
8 system is?

9 A I have some overheads.

10 Q Would you step down?

11 (Witness stood in the well of the court.)

12 MS. WALKER: First of all, is everybody
13 going to see the screen?

14 (Jurors indicated yes.)

15 MS. WALKER: All right. Is it possible
16 to dim them a little bit?

17 BY MS. WALKER:

18 Q Okay. If you could explain the PowerPlex
19 system to us.

20 A PowerPlex system just a name given to the
21 different areas of the DNA that I looked at. There
22 is actually eight areas that are within the
23 PowerPlex system.

1 One of those areas is referred to as the
2 TPOX, and locus is just defining that that is the
3 locus in area on the DNA.

4 To explain the PowerPlex, I'm just going
5 to take you through the TPOX so you can get an idea
6 of exactly how all these eight areas work and what
7 I look at them for.

8 So TPOX would be one of those links in
9 the chain, which I would use PCR to make copies of.
10 And then I would test for what DNA types or alleles
11 exist at that specific area.

12 And for TPOX, there are these alleles, 6,
13 7, 8, 9, 10, 11, 12 and 13. Now, knowing that you
14 get half of your mother -- half of your DNA from
15 your mother and the other half from your father,
16 there are 36 possible combinations that exist.

17 So what that means is that everyone in
18 this room would then be in -- you probably can't
19 see it because it's very small print -- it would be
20 one of those 36 different types.

21 So, for example, here is an 8,13. And if
22 I was an 8,13, I would get the 8 from my mother or
23 the 13 from my father or vice-versa, the 13 from my

1 mom, an 8 from my dad.

2 But the bottom line is that if I were to
3 test anyone's blood sample in here, you would one
4 of these 36 types.

5 Now, that TPOX is one of the eight areas
6 that exist, and here then are the complete
7 PowerPlex systems listing all eight. And what we
8 have is a CSF139. There is the TPOX that we talked
9 about. The TH01. The vWA. And then these four
10 down here, D16S539, D7S820, D13S317 and D5S818.

11 So once again, these are just areas, the
12 individual areas that then I make copies of and
13 test for the possible variations or alleles that
14 exist for evidentiary materials as well as on blood
15 samples submitted to me.

16 Q Now, when you're going through this
17 analysis, do you have a particular protocol that
18 you follow?

19 A Oh, yes.

20 Q And did you follow that protocol when you
21 did the testing in this particular case?

22 A Yes, I did.

23 Q I guess I will have you sit down for a

1 second, if I may, and I will take this down.

2 (Witness resumed the stand.)

3 BY MS. WALKER:

4 Q I show you now what is marked as
5 Commonwealth's Exhibit No. 19 and ask if you could
6 identify this item.

7 A Yes. I recognize this item by the unique
8 laboratory number that was assigned to it as well
9 as my initials on it.

10 And this was submitted to me as a blood
11 sample from Mr. Dicks.

12 Q The victim in this case.

13 And when you received the sample, what
14 was the condition of it?

15 A It was in a sealed condition.

16 Q I show you what is marked as
17 Commonwealth's No. 27 and ask if you can identify
18 this item.

19 A Once again, I recognize it by the unique
20 laboratory number assigned to it as well as my
21 initials right here.

22 And this was submitted to me as a blood
23 sample from Mr. Lovitt.

1 Q And what was the condition of the package
2 when you received that?

3 A This also was sealed.

4 Q I show you what is Commonwealth's Exhibit
5 No. 25 and ask if you can identify that.

6 A Yes. Once again, I recognize it by the
7 unique laboratory number, my initials here.

8 And this was submitted to me as a blood
9 sample from Mr. Grant.

10 Q I show you what is marked as
11 Commonwealth's Exhibit No. 9 and ask if you can
12 identify this item.

13 A Once again, by the unique laboratory
14 number, my initials right here, and I recognize
15 this item as scissors that were submitted to me.

16 Q And what was the condition of that
17 package when you received it?

18 A This package was also sealed.

19 Q Now, what was the purpose of all of these
20 items coming into your possession?

21 A The purpose of my examination was to
22 determine if there was any biological material
23 present on the scissors and compare it to the DNA

1 profiles of the known standards that were submitted
2 to me, the known blood samples.

3 Q Now, when you received the scissors and
4 they came to you, was there any discussion about
5 what those scissors were going to be examined for?

6 A Yes. There was a request to have DNA
7 testing as well as latent fingerprint examinations
8 conducted on that item.

9 Q And when there is going to be testing of
10 more than one type -- in this case there was going
11 to be DNA and also print examination -- does that
12 item generally go to the lab?

13 A Yes.

14 Q And if in fact the item had been
15 submitted to the Arlington Police Department, for
16 instance for fingerprint analysis, what would that
17 have done to your examination?

18 A That would not have been preferable
19 because we need to collect the samples for DNA
20 testing prior to any type of fingerprint
21 examination.

22 Q And so was fingerprint examination done
23 before or after you had it?

1 A After. I had received the scissors
2 first, removed the areas that were going to be
3 tested for DNA and then forwarded the scissors to
4 our latent examiner section.

5 Q Now, did you in fact extract any DNA from
6 the scissors?

7 A Yes, I did.

8 Q And could you explain where that was?

9 A I actually obtained human DNA from two
10 different areas on the scissors.

11 One I designated Area A, which was the
12 lower blade portion near the tip of the scissors.
13 In this area I found the presence of blood and then
14 obtained DNA results from that area.

15 I also tested another area of the
16 scissors, which I designated as Area B, which also
17 had blood on it. And it was almost like in the
18 midsection of the scissors, just right below the
19 loops of where you stick your fingers in, there,
20 and then on the blade portion.

21 Q If I show you a photograph of the
22 scissors, could you point out the two areas?

23 A Yes.

1 Q I show you what is marked as
2 Commonwealth's Exhibit No. 12 -- and may I hide
3 Your Honor. And if maybe you -- can everybody see
4 this?

5 If you could point out the two areas of
6 the scissors that you are talking about.

7 A When I examined these, this area -- I had
8 taken them from both blades -- were considered
9 Stained Area A. And then Stained Area B was this
10 area right here.

11 So it would be from both of these on both
12 sides there.

13 MR. RUCKER: I missed that, Your Honor.
14 I wonder if she could do that again.

15 BY MS. WALKER:

16 Q Please say that again.

17 A Stained Area A was further down near the
18 tip of the blade. And then Stained Area B was up
19 here right below in the midsection of the scissors.

20 Q I show you what is marked as
21 Commonwealth's Exhibit No. 20 and ask if you can
22 identify this item.

23 A Once again, I recognize it by the unique

1 laboratory number and my initials on this item.

2 This item was submitted to me as the,
3 excuse me, fingernail clippings and hair from,
4 excuse me, from Mr. Dicks.

5 Q And what was the condition of that
6 package when you received that?

7 A This package was also sealed.

8 Q And did you in fact look at the
9 fingernail clippings for the presence of blood?

10 A Yes, I did.

11 Q And did you find any?

12 A Yes. I had the indication of blood on
13 the fingernail clippings.

14 Q I show you what is marked as -- this has
15 been taken out of the package -- but 18-B, and ask
16 if you could identify this item.

17 A I recognize this outer packaging as well
18 as the contents. On the packaging, I recognize the
19 unique laboratory number and my initials.

20 And this was submitted to me as a jacket
21 coming from Mr. Lovitt.

22 Q And did you examine that for the presence
23 of blood, for body fluids?

1 A Yes, I did.

2 Q And were you able to find any?

3 A Yes. I did find blood on one area on the
4 front of the jacket.

5 Q And did you make an extraction?

6 A Yes. And I attempted to obtain DNA
7 results from this jacket.

8 Q Now, when you made that extraction from
9 the jacket, how did you do that?

10 Did you have to make any cuttings or --

11 A Yes. Whenever we have an item of
12 evidence that has a stain on it, usually if it is
13 on clothing, what we need to do is cut the stain
14 out and then extract the DNA from that piece of
15 material that I have removed.

16 Q And just a second, and I will find those.

17 A Sorry. I recognize this. This is a
18 package that I made, and it has a unique laboratory
19 number assigned to it as well as my initials.

20 And this the part of the stain that I cut
21 out, and this is what I didn't take for the DNA
22 testing. So there is still some sample that
23 remains.

1 Q And if I could hold up the jacket, could
2 you show us from what area?

3 A Sure. It is on the front left side.
4 These holes there. Those I made.

5 Q Can everybody see?

6 And finally I show you what is marked as
7 Commonwealth's Exhibit No. 28 and ask if you can
8 identify this package.

9 A Yes, by the unique laboratory number
10 assigned to it, my initials.

11 And these were submitted to me as stained
12 and controlled swabs.

13 Q And was that sealed when you received
14 that package as well?

15 A Yes, it was.

16 Q Now, I would like to refer back to the
17 jacket again.

18 When you were examining that and you
19 determined that there was blood on the jacket, were
20 you able to determine which side of the jacket that
21 blood came from?

22 A Yes. I determined that it was from the
23 outside of the jacket. Outside origins is how we

1 refer to it as.

2 Q And could you describe to the jury what
3 the bloodstains looked like?

4 A Yes. They were -- if I may refer to my
5 notes for a second.

6 They were three small somewhat circular
7 stained areas.

8 Q And about what size, would you say?

9 A About the size of a nickel, each of them.

10 Q The round part of the nickel?

11 A Yes.

12 Q The diameter of the nickel?

13 A Yes.

14 Q And were they all in the same area?

15 A Yes. As you can see from my cuttings,
16 they were all on that left front side grouped
17 together.

18 Q Now, did you make a chart that summarized
19 your findings of your analysis that you made of all
20 of these items?

21 A Yes, I have.

22 Q And I show you what has been marked as
23 Commonwealth's Exhibit 30 and ask if you can

1 identify this.

2 A That seems to be a copy of the chart that
3 is in my certificate of analysis just made a lot
4 bigger and put on cardboard.

5 MS. WALKER: I would move this in as
6 Commonwealth's Exhibit 30.

7 THE COURT: Any objection?

8 MS. WOLFE: No.

9 THE COURT: 30 is admitted without
10 objection.

11 (Thereupon, Commonwealth Exhibit 30 was
12 admitted into evidence.)

13 BY MS. WALKER:

14 Q And by using the chart, could you come
15 down and explain what your findings were?

16 (Witness stood in the well of the court.)

17 BY MS. WALKER:

18 Q Okay. Can you-all tell us, first of all,
19 if everybody can see it and does it need to be
20 closer?

21 Is that okay?

22 All right. If you may explain.

23 A What this is is a table of the summary of

1 typing results, the DNA typing results that I
2 obtained for this particular case.

3 And as you can see up here at the top,
4 these are the eight areas that I showed you on the
5 overhead, the CSF, TPOX, CFOR and et cetera.

6 And this column then is the description
7 of the item as it was submitted to me. And then in
8 each of these columns are the results that I
9 obtained as of areas for those specific samples.

10 So if you go to this first sample, which
11 was submitted to me as a blood sample from Clayton
12 Dicks, I tested his sample and established that in
13 the CSF1PO his type would be an 8,13. He is an 8,9
14 here; a 7,7; an 11,14; a 12,13; an 8,11; a 20,14;
15 and an 8,12.

16 This is his DNA profile. So if I were to
17 test anything from his body, this is what I would
18 obtain. In this particular instance, I tested his
19 blood sample, and this is what his DNA profile is.

20 I then did that also for the blood
21 samples from Mr. Lovitt and Mr. Grant and
22 established a profile for each of those
23 individuals.

1 Now, the first thing that I do whenever I
2 conduct this type of testing is look at these
3 profiles and see if I can tell these people apart,
4 and I can see right here that I can tell them
5 apart.

6 All three of them are a different type at
7 this one area as well as being different in other
8 areas. Each are the same here. They are all three
9 different here. They are all three different here
10 and so forth, but there are differences that exist.

11 But the bottom line is I only need one of
12 those eight for them to be different so that I can
13 tell them apart. But I have many instances where I
14 can easily tell them apart.

15 Now, that I have their DNA profiles and I
16 know that I can tell these three individuals apart,
17 I then would compare it to any type of -- any DNA
18 profiles that I obtain from evidence in the case.

19 So for this particular one on the
20 scissors, which we already talked about Stained
21 Area A, which was near the tip. And then Stained
22 Area B, which was in the midsection of the
23 scissors. Remember, I found blood on both of those

1 areas.

2 Stained Area A, and the profile is an
3 8,13; an 8,9; 7,7; 11,14; 12,13; 8,11; 10,14 and
4 8,12.

5 Now, what I do is I compare these DNA
6 profiles to this profile to see if these people can
7 be eliminated as the human DNA that I obtained from
8 Stained Area A.

9 In comparing this profile to these three
10 individuals, we can see that Mr. Grant is
11 eliminated because he is an 11,12, and I'm picking
12 up an 8,13. He is eliminated. Mr. Dicks is an
13 8,13, so he can't be eliminated.

14 So what I do is I look at the rest of the
15 profile and determine can Mr. Dicks be eliminated.

16 But here you can see that the whole way
17 across is the exact same DNA profile. So the
18 conclusion for that sample is that Clayton Dicks
19 cannot be eliminated as the contributor of the DNA
20 on the end of the scissors, whereas Mr. Lovitt and
21 Mr. Grant are eliminated.

22 Stained Area B, which was in the
23 midsection, I then go back and do the same

1 comparison.

2 Now, I want to draw your attention to
3 vWA. I have three results here. And what this
4 means to me in the laboratory, knowing that you
5 have half of your DNA from your mother and the
6 other half from your father, the most that you can
7 have is two alleles or two variations.

8 Well, here I have three. So I know that
9 I have more than one person's DNA. So this is what
10 we call a mixture.

11 This is the only area where I'm detecting
12 this mixture. And actually the parentheses here
13 also indicates that it is in a lesser amount. It
14 is not as much -- there is a lesser amount of it
15 compared to the 11 and 14. And this is something
16 that we see often in mixtures, DNA being -- one
17 being more present than the other.

18 So, my conclusion in comparing to these
19 three people, I have the 8,13, and this profile is
20 very similar. It is exactly the same except for
21 the 17. So Clayton Dicks cannot be eliminated
22 because this main profile that we are coming to is
23 consistent with him.

1 Q But you do have a 17 here. So could this
2 come from Mr. Lovitt or Mr. Grant?

3 A Well, the whole bottom line is I can't
4 draw a conclusion. Even though they have 17, I do
5 not have a 16 or a 15 here.

6 But more importantly is this is the only
7 area, only one area that I'm even detecting the
8 mixture. And it's kind of like putting a puzzle
9 together. If you don't have all the pieces, you
10 don't even know what you're looking at.

11 So I only have this one little bit of
12 information that tells me I do have a mixture.
13 There is DNA from more than one person here, but
14 it's not enough for me to base any conclusions as
15 to eliminating an individual or not.

16 So for that particular sample, I could
17 say that Mr. Dicks cannot be eliminated. But as
18 for Mr. Lovitt and Mr. Grant, I can make no
19 conclusion to whether they are eliminated or
20 included. There is no conclusion made in reference
21 to those two people to that stain.

22 So the fingernail clippings from
23 Mr. Dicks, comparing this profile back to these

1 people, this profile is consistent with Mr. Dicks.
2 And that's not unusual to pick up his own DNA
3 profile in his own fingernail clippings.

4 So I have no information of DNA being
5 present from another individual on his fingernail
6 clippings.

7 And on the jacket, the stained area on
8 the left side that I found blood, here I have
9 "-INC-," which indicates an inconclusive result,
10 meaning I was not able to get a conclusive -- a
11 reportable result out, so it is inconclusive.

12 Here what the "****" means, I got no
13 result whatsoever. So basically for this entire
14 profile, I have no information as to whether it is
15 even human DNA. I can just say for that specific
16 sample that there is blood present, and I isolated
17 DNA, but I cannot tell you if it is human DNA for
18 that sample.

19 And submitted were some stained swabs
20 from the cash register, which has this DNA profile.
21 And comparing this back to these three individuals,
22 Mr. Dicks is eliminated because it's an 8,13, and
23 what I'm obtaining is an 11,12.

1 Mr. Lovitt is eliminated because he has a
2 10, and I'm not picking up a 10 here. But
3 Mr. Grant is an 11,12, so he can't be eliminated.
4 So let's look at the rest of the profile to see if
5 he can be eliminated.

6 And as you can see, the whole way across
7 it is exactly like Mr. Grant's; so, therefore, he
8 cannot be eliminated as the contributor of the
9 stained swabs from the cash register.

10 (Witness resumed the stand.)

11 BY MS. WALKER:

12 Q People who are related, do they tend to
13 share some of the same genes?

14 A Yes.

15 Q So you might see some similarities in
16 their profiles?

17 A Yes. There is -- when you are related,
18 obviously there are some similarities.

19 But again, most likely I would be able to
20 tell the difference. And if you submitted a known
21 blood sample from related individuals, then you
22 would be able to answer that question.

23 Q But for instance, you made the note that

1 at one of the alleles Mr. Lovitt and Mr. Grant were
2 both 8,12. And if I were to tell you that they
3 were cousins, that would not seem odd to you then?

4 A No.

5 Q Now, let me ask you two other things.
6 One is about the jacket. You were able to tell
7 that it was blood.

8 Is there a reason that you were not able
9 to tell more such as you could, for instance, with
10 the scissors?

11 A For this particular sample, there are two
12 factors which I think possibly influence my ability
13 not to get the DNA results, one being a low level
14 of DNA present in the stain as well as possible
15 effects of the actual jacket in preventing me from
16 getting a result.

17 It is a term that we refer to as
18 inhibition where there is something in the jacket
19 that is preventing the DNA testing procedure to
20 work as it normally should. So it blocks me from
21 obtaining the results.

22 So those two factors in combination, I
23 believe, is the reason I had the inconclusives.

1 Q But it was able to tell you that it was
2 blood?

3 A Yes. I still am able to say that there
4 is blood present on the jacket.

5 Q And that it was external rather than
6 internal?

7 A Yes.

8 Q And the size and shape of it?

9 A Yes.

10 Q Now, on the Stained Area B where it is
11 inconclusive and you are not able to include or
12 exclude, let me ask you this: If -- and let me
13 turn this around, or maybe you have a copy of it.

14 A I have a copy.

15 Q Okay. If in those parentheses instead of
16 a 17 that allele had been a 2, for instance, that
17 would have eliminated Mr. Lovitt, would it not?

18 A Yes.

19 Q And if it had been a 1, it would have
20 eliminated him?

21 A Yes.

22 Q So there are many numbers that would have
23 eliminated him, and he does have a 17. Is that

1 correct?

2 A Yes, he does.

3 Q So you were not able to eliminate him in
4 doing this process totally?

5 A I was not able to draw a conclusion,
6 therefore, not able to eliminate him either.

7 Q But there would have been some -- in some
8 instances you would have been -- if it had been a
9 2, for instance.

10 A If the results were different, then they
11 would have had a different conclusion, possibly.

12 Q Now, this is one other thing. The
13 Stained Area A which came back where you were not
14 able to exclude the victim as being a contributor,
15 that you were able to determine was blood. Is that
16 correct?

17 A Yes.

18 Q In the mixture that you have in Stained
19 Area B, does that necessarily mean it is a mixture
20 of bloods?

21 A I cannot say that because there can be
22 DNA from perspiration or other body fluid that we
23 do not have a test specific for.

1 So, no, I cannot say it is exclusively
2 from a mixture of bloods.

3 Q When you have mixtures, is it any more
4 common or not to be a mixture of fluids as well?

5 A I guess it is depending on the type of
6 case that it is. But oftentimes when you have
7 mixtures which are mixtures of body fluids, they
8 are different body fluids.

9 Q Now, did you also do a statistical
10 analysis on the blood of Stained Area A --

11 A Yes, I did.

12 Q -- in regards to the profile that this
13 predicts?

14 A Yes.

15 Q And could you explain what that means?

16 A The purpose of giving a statistic in my
17 report is just to give you an idea as to how often
18 this profile would be seen in the population.

19 And so therefore on Stained Area A, which
20 was on the scissors, the probability that that
21 stained area would be seen again in the population
22 is approximately 1 in greater than 5.5 billion,
23 which is the world population in the Caucasian,

1 black and Hispanic population.

2 Q Do you-all curtail the numbers that you
3 use? Do you go into the trillions?

4 A Oftentimes the statistical number is
5 greater than 5.5 billion, but what we do is we cut
6 it off at the population of the world by saying
7 that it's greater than one in the world's
8 population.

9 The number could be actually higher, but
10 we just report out in the world.

11 Q Was it higher in this case?

12 A Yes, it was.

13 MS. WALKER: I have no further questions.

14 CROSS-EXAMINATION

15 BY MR. RUCKER:

16 Q Ms. Palmer, let me start off by asking
17 you some questions about the blue jacket.

18 You testified that you can't even tell
19 whether or not the drops of blood on that are
20 human?

21 A That is correct.

22 Q Then that must mean it could be animal
23 blood?

1 A That is a possibility.

2 Q It could be chicken blood, steak blood.
3 You just don't know what kind of blood it is, do
4 you?

5 A I do not.

6 MR. RUCKER: I'm going to need the
7 blackboard eventually, so could you bring it to
8 this area for me?

9 BY MR. RUCKER:

10 Q Now, I don't claim to be an artist, but
11 I'm going to try to draw a diagram of the scissors.

12 If you would please bear with me,
13 Ms. Palmer, is that a fair representation of the
14 scissors?

15 A It looks like a pair of scissors.

16 Q And let's say this is the hinge of the
17 scissors.

18 Now, can everyone see?

19 When you were talking about Stained Area
20 A on the scissors, are you referring to an area in
21 here as A? This area?

22 A Yes. It is the lower portion.

23 I wouldn't call it that high on the

1 blade --

2 Q Here?

3 A Yes.

4 Q And that area then is A?

5 A Right.

6 Q Now, when you swab that area, what do you
7 actually do?

8 A What I actually do is take a sterile swab
9 and add sterile water to it and recover the stained
10 material that I see present in that area.

11 Q Do you swab both sides of the thing
12 entirely of that area?

13 A For this particular item I had swabbed
14 both blades, inner and outer edges.

15 Q Now, you say there may be also body
16 fluid, perspiration, saliva, that you can't see
17 with the naked eye.

18 So would you swab this area in here also
19 just to be sure?

20 A Based on the examinations that were being
21 conducted, both latents and DNA testing, we
22 consulted with each other so that I just didn't
23 randomly swab over anything and possibly destroy

1 any possible latents that existed.

2 So we were careful in selecting specific
3 areas to be tested. So I did not swab the entire
4 length of the scissors.

5 Q So you would say from here to roughly
6 here -- we will call this Area C -- no swabbing was
7 done?

8 A Actually the hinge area was included in
9 Stained Area B.

10 Q A little bit below the hinge area.

11 But there is an area between here and the
12 hinge that was not swabbed at all?

13 A Yes, I'm sure -- yeah, that small little
14 area was not.

15 Q And then you have Area B. Could we call
16 that this area between the hinge and the handle?

17 A It started right below the loop of the
18 handle, so a little bit higher on the handle.

19 Q Here?

20 A Right below where your circles are.

21 Q Here?

22 A Up a little bit. Up. Up. Right there.

23 Q So it's from here --

1 A To below the hinge.

2 Q Just below the hinge?

3 A No, to the other side of the hinge, sir.

4 Q I beg your pardon?

5 A To the other side of the hinge.

6 Q And that would be Area B?

7 A Yes.

8 Q Now, was that done on both --

9 A Yes.

10 Q -- sides?

11 A Yes.

12 Q So this is Area B, both sides?

13 A Yes.

14 Q Nothing done roughly in this area?

15 A I did not swab that area.

16 Q And then roughly this is A?

17 A Yes.

18 Q Is that fair?

19 A Uh-huh.

20 Q Now, in Area A you found nothing but what

21 you concluded was DNA from Mr. Dicks. Is that

22 correct?

23 A I was unable to eliminate Mr. Dicks as

1 the contributor of the DNA I detected in that area.

2 MR. RUCKER: Sorry about that. I need to
3 set the easel back up, if I could get some
4 assistance.

5 BY MR. RUCKER:

6 Q Are you able to see that from your
7 position?

8 A Yes. I have a copy here also.

9 Q Now, you testified that you followed the
10 protocol.

11 What does that mean in layman's terms?
12 I'm not --

13 A Right. Just like you have a recipe for
14 telling you how to cook a -- make a cake, we have
15 recipes which tell us how to conduct the DNA
16 testing.

17 It is called a protocol then. It is a
18 recipe.

19 Q And that's what you followed. Is that
20 correct?

21 A Yes.

22 Q Is that in a book or a pamphlet that you
23 have to follow?

1 A It is in a book form.

2 Q Now, you testified that we get DNA from
3 our mother and father. Therefore, when you run
4 these tests, you get two alleles.

5 Allele meaning these are the numbers.
6 These represent alleles, do they not, these 11 and
7 12s?

8 A Yes, those are alleles.

9 Q Now, how do you -- once you extract --
10 well, once you have the sample fluid, whether it is
11 blood or whatever it may be, what process do you go
12 about to break it down into these various eight
13 categories?

14 A That's a pretty loaded question which
15 would take a long time to answer, but I would be
16 more than happy to give you an abbreviated version.

17 Q Well, give me the abbreviated.

18 A Okay. The bottom line is once I take a
19 stain that I determine is going to have DNA testing
20 done on it, the DNA is removed from the stained
21 area, copies are made of the DNA, and then the
22 types then are determined through the testing of
23 that.

1 Q Now, that's PCR. The copies are made
2 through polymerase chain reaction?

3 A Polymerase chain reaction, yes.

4 Q What was the first word again?

5 A Polymerase.

6 Q Polymerase chain reaction. That's the
7 technique you use to amplify or duplicate the DNA
8 that you have. Is that correct?

9 A Yes.

10 Q Now, you say that we get DNA from our
11 mother and our father; and, therefore, we have in
12 testing two alleles, meaning this would be mother
13 and father or father and mother, vice versa. Is
14 that correct?

15 A Yes.

16 Q Now, in all of human beings, isn't it
17 true that probably 97 percent of our DNA is
18 identical to every other human being?

19 A Obviously when you look at each other, we
20 all see that we have a head that is somewhere in
21 the middle of our shoulders. So a lot of our DNA
22 is very similar.

23 But there is that small percentage that

1 is different, and that's what we are able to use to
2 differentiate between people. So, yes.

3 Q So roughly only 3 percent or less of the
4 DNA is what we can use for identification purposes?

5 A That's correct.

6 Q Now, you had a known sample from
7 Mr. Dicks in all eight categories of this PowerPlex
8 system.

9 Now, you have here at TH01 a 7,7.

10 Now, if we get DNA from our mother and
11 from our father, how could you have a 7,7 at that
12 location?

13 A Very simply, both your mother and father
14 both gave you a 7. So, therefore, you would have a
15 7 from your mother and a 7 from your father.

16 Q So you put it down because it's a known
17 sample as a 7,7. Is that correct?

18 A Yes.

19 Q But how many alleles did you actually
20 see?

21 A You only, with our testing, only see one
22 allele.

23 Q So actually what you saw was just one 7.

1 But because you knew it was from Mr. Dicks, you put
2 a 7,7?

3 A The way we write these results, the
4 method or the manner that we do it, yes, you would
5 then write a 7,7 knowing that both parts came --
6 you have more than -- you have a result that is a
7 part of two, one from your mother and one from your
8 father.

9 So a 7,7 would be written.

10 Q Now, you received blood samples from
11 Mr. Dicks, Mr. Grant and Mr. Lovitt.

12 A Yes.

13 Q Did you receive any other blood samples
14 or swabs from the police department?

15 A For known blood samples do you mean?

16 Q Known or unknown, did they send you any
17 other swabs of blood for DNA analysis?

18 A No.

19 Q So you never received any swabs from
20 blood that was obtained from the floor of
21 Champion's pool hall?

22 A No, I did not.

23 Q I would like to direct your attention now

1 to the location vWA, and more particular in that
2 area to Stained Area B of the scissors.

3 Can you see that from where you are?

4 A Uh-huh.

5 Q In that you have three alleles or three
6 variations; correct?

7 A Yes.

8 Q Now, that immediately indicates to you
9 that you have a mixture?

10 A Yes.

11 Q Now, you can't tell whether it is a
12 mixture of blood and perspiration, blood and
13 saliva, saliva and perspiration, semen and
14 perspiration.

15 You can't tell what that combination is?

16 A Well, I do know that there is blood
17 present in there. So I would assume that then
18 blood was providing some of that DNA. But as to
19 the other fluids that you had mentioned, I do not
20 know if they are present.

21 Q It could be blood and blood?

22 A It could be blood and blood, yes.

23 Q Now, the 11,14 matches with Mr. Dicks --

1 A Yes.

2 Q -- correct?

3 Now, the 17 does not match with Mr. Dicks
4 at that location.

5 A That is correct.

6 Q But it does match or at least has a
7 similar characteristic with Mr. Lovitt and
8 Mr. Grant.

9 A They both have 17s, correct.

10 Q Now, this 17, isn't it possible that what
11 you have here is a 17,17, and it is being masked
12 just like this 7,7 was being masked?

13 A That is a possibility.

14 Q So if this were a 17,17, would that
15 exclude without any doubt Mr. Lovitt as being the
16 donor?

17 A For that particular profile, because I
18 only have the information at the one low side,
19 there are other factors that could be happening, so
20 I still would, even if -- even if we could
21 determine it was a 17,17, which would have to be
22 another type of testing that I do not do in the
23 laboratory, I just still feel that no conclusions

1 would be appropriate.

2 Q Well, he is not a 17,17; correct?

3 A That is correct.

4 Q Well, let me ask you this: Suppose --
5 suppose that the donor of that material, the 17,
6 was an 11,17. Is that possible?

7 A You're asking me -- yes, that is a
8 possibility that they would be an 11,17.

9 Q Because this 11 would mask the 11 of the
10 other allele of the other variation of the second
11 person?

12 A That is also a possibility.

13 Q And it could also be a 14,17 at that
14 location.

15 A Yes. That also could be another
16 possibility.

17 Q And for the same reason that the 14 of
18 the other individual is being masked by the 14 of
19 Mr. Dicks?

20 A That's a possibility..

21 Q So for the purposes of this questioning,
22 you could have a 17,17 here, an 11,17 here, and an
23 11,14 here.

1 It could be any one of those?

2 A Yes.

3 Q And is Mr. Lovitt at that location a
4 17,17?

5 A No, he is not.

6 Q Is he an 11,17 at that location?

7 A No.

8 Q Is he a 14,17 at that location?

9 A No, he is not.

10 Q Now, there is only one allele or one
11 variation here for the second individual.

12 Do you have an explanation for why that
13 might occur?

14 A For this particular sample, what I'm
15 seeing is one DNA profile coming through very
16 strong, and then I have this small one-piece bit of
17 information that I have a mixture.

18 And oftentimes whenever you have such an
19 imbalance of something being so strong and the
20 other one being so weak, the weak one might not
21 possibly be coming through all the way.

22 And as we are seeing, you don't see it in
23 another area on the DNA. So also in that vWA

1 portion, I could possibly be missing a variation or
2 an allele. So that's a possibility also.

3 So that's why on that sample I draw no
4 conclusion to Mr. Lovitt or Mr. Grant being
5 possible contributors, even though they have a 17
6 in their profiles.

7 Q Well, when you are in the process of this
8 PCR, isn't it possible that in the duplication of
9 the DNA, some DNA overwhelms the other, and you
10 just don't get a reading of the other allele?

11 A That can happen, yes.

12 Q It's there, but it just gets overwhelmed?

13 A That's a possibility.

14 Q Now, is there a term for that?

15 A Yes, there is.

16 Q What is it?

17 A It is referred to as allelic dropout,
18 which just simply means that our variation or our
19 allele is not being seen because it is so weak that
20 it is then missed or dropped out.

21 Q Now, in occasions when you have done
22 testing with allele dropout, do you have an opinion
23 with respect to whether or not it is the higher

1 allele that drops out or the lower allele that
2 drops out?

3 A Based on my experience, I would not say
4 one way or the other. Whenever you are dealing
5 with this type of sample and you have such low
6 amounts of DNA, it fluctuates.

7 Q Do you recall talking with me several
8 weeks ago --

9 A I talked to you many times now.

10 Q -- about allele dropout?

11 A Yes.

12 Q Do you recall me asking you about whether
13 or not there would be the higher allele or the
14 lower allele that you would find in dropouts?

15 A Yes.

16 Q Do you recall your answer to me?

17 A I think that the answer would be that
18 sometimes it is the higher one, that you can see
19 that, but --

20 Q That's fine. That is all I'm asking.
21 Sometimes it can be the higher one.

22 At this location, the markers that you
23 have here in vWA, is the lowest you have ever seen

1 around a 6 or a 7 and the highest about a 22?

2 That's the span that we're talking about?

3 It doesn't go down to 1 and to 50? I
4 mean --

5 A No. Those are set numbers.

6 Q These are markers that you look at when
7 you run the tests?

8 A Yes.

9 Q And would you say -- is it fair to say
10 that the low end is 6 or 7 and the high end is
11 about 22?

12 A For the specific vWA, the number is an
13 11, if that is what you are asking.

14 Q Is that the lowest number?

15 A Yes.

16 Q What is the highest?

17 A It is a 21.

18 Q 21.

19 So if you have an allele dropout in this
20 case and it is the higher allele, then you could
21 have a 17,18 donor, a 17,19 donor?

22 A Yes.

23 Q A 17,20 donor?

1 A Yes.

2 Q A 17,21 donor?

3 A That's a possibility.

4 Q You could also have down the line?

5 A Yes.

6 Q But it is your experience that it is the
7 higher one that drops off?

8 A Sometimes.

9 Q Well, the DNA is there, is it not? We
10 just can't see it?

11 A With allelic dropout, we cannot see the
12 DNA, but it also becomes a question of whether it
13 was even copied.

14 So it depends on what step of the process
15 you are asking.

16 Since we can't detect it, I think that's
17 a difficult question to pinpoint exactly when, if
18 it's there, but you can't see it.

19 Q Now, you testified that you did the DNA
20 on the scissors, that testing swabbing first, and
21 then it went to fingerprinting?

22 A Yes.

23 Q Is that correct?

1 A Yes.

2 Q Do you know, why does it go to DNA first
3 and not to fingerprinting first?

4 A As I testified before, because if the
5 fingerprint process was done first, there is a
6 possibility that it could damage or prevent me from
7 getting DNA results.

8 So what we do is remove -- if we know an
9 item needs both testings done, it comes to me
10 first, and I will remove the DNA areas or the areas
11 that are going to have DNA testing conducted on
12 them, and then forward, whether it be a knife,
13 scissors, a gun or anything.

14 It goes then to latents for them to
15 conduct their exam.

16 Q For the sake of argument, if this allele
17 was a 17,17, is it your testimony that it could
18 still be Robin Lovitt's DNA?

19 A First of all, my conclusion would not
20 change because it is only one piece of information
21 that I have, and I don't feel that that is enough
22 in that whole entire profile to draw a conclusion.

23 So I still would say no conclusion can be

1 made in reference to Mr. Lovitt.

2 Q So tell me -- he is not a 17,17 at that
3 location. He is a 16,17, is he not?

4 A That's correct. I'm taking that into
5 consideration, that that profile can be from an
6 11,17; a 14,17; a 17,17 person. That is why we are
7 saying no conclusion.

8 I am taking all those possible
9 considerations in and evaluating them. But it
10 still is only one piece of information across eight
11 different areas where I could get information, and
12 it's just not enough for me to draw a conclusion.

13 Q Now, we are talking about this location
14 vWA in your PowerPlex system.

15 Lovitt at that location is a 16,17. Is
16 that correct?

17 A Yes.

18 Q And Grant at that location is a 15,17.
19 Is that correct?

20 A That is correct.

21 Q Now, we have Mr. Dicks there at 11,14.
22 We know that is Mr. Dicks; correct?

23 A I cannot eliminate Mr. Dicks at that

1 loci.

2 Q So the possible combinations that we have
3 here at vWA, we have the 11,14 and 17.

4 This could be an 11,17; correct, at this
5 location?

6 A I'm not following. I'm sorry. What are
7 you doing?

8 Q At vWA, finding this series of alleles at
9 that location, we could be looking at -- we know we
10 are looking at an 11,14. But we could be looking
11 at an 11,17 here.

12 A That is a possibility.

13 Q And Robin Lovitt is a 16,17; correct?

14 A That is correct.

15 Q And we could be looking at a 14,17 here?

16 A That's a possibility also.

17 Q And Robin Lovitt is a 16,17; correct?

18 A Yes.

19 Q We could be looking at a 17,17 at this
20 location; correct?

21 A Yes.

22 Q And Robin Lovitt is a 16,17?

23 A Yes.

1 Q We could also be looking at a 17,18.
2 A I'm not detecting an 18, sir.
3 Q Well, it could be a 17 is missing here,
4 so there is a possibility for 17,18.
5 A Okay. That's a possibility.
6 Q And Robin Lovitt is a 16,17?
7 A Right. I see what you're saying. Okay.
8 I wasn't following your train of thought.
9 Q I'm going on up the ladder.
10 A Okay.
11 Q It could be a 17,19. If I'm blocking it,
12 I'm sorry. I'm limited in my movement.
13 It could be a 17,19 --
14 A Yes.
15 Q -- at this location?
16 A Uh-huh.
17 Q And Robin Lovitt is a 16, 17; correct?
18 A Yes.
19 Q It could be a 17,20 at this location?
20 A Yes.
21 Q And Robin Lovitt is a 16,17?
22 A Yes.
23 Q It could be a 17,21 at this location?

1 A Yes.

2 Q And Robin Lovitt is a 16,17?

3 A Yes.

4 Q It could be a 16,17 at that location?

5 A Yes, it could.

6 Q That is Robin Lovitt?

7 A Yes, that is.

8 Q It could be a 15,17?

9 A Yes.

10 Q And that is Warren Grant?

11 A That's a possibility.

12 Q Well, it's a good possibility, isn't it?

13 A Yes, that is his type.

14 But I mean --

15 Q But it's a good possibility it's Warren

16 Grant. He is a 15,17.

17 A Yes.

18 Q And it could be a 14,17; 13,17; 12,17; or

19 11,17?

20 A Yes.

21 Q And Robin Lovitt is a 16,17; correct?

22 A Yes.

23 Q Now, were you sent other items from the

1 police department to examine other than for DNA?

2 Did you do any other analysis?

3 A I had examined other items in the case,
4 yes.

5 Q What other items had you examined?

6 A I had analyzed several items of clothing
7 and shoes.

8 Q Let's to go back just for a moment to the
9 blue jacket.

10 You can't tell how long that blood has
11 been on that jacket, can you?

12 A No, I cannot.

13 THE COURT: Do you need a break?

14 Mr. Rucker, you need to take a break,
15 please. Let the jury retire.

16 (Jury left the courtroom.)

17 THE COURT: Have them knock on the door
18 when they are ready.

19 MS. EASTMAN: Your Honor, may I be
20 excused for a moment?

21 (A recess was had.)

22 THE COURT: Would you bring the jury in,
23 please?

1 THE DEPUTY: Yes, sir.

2 BY MR. RUCKER:

3 Q Ms. Palmer, before I start to ask you
4 about some of the other clothing items that were
5 sent up to you for analysis, when you received this
6 evidence, were you aware that this was a capital
7 murder case?

8 A I believe that I knew it was a homicide.
9 I mean, knew it was a homicide, but as far as a
10 capital murder case, no. I had no idea other than
11 it was a homicide.

12 Q But you have become aware while testing
13 this evidence and et cetera of the -- or have you
14 become aware of the basic involvement, if it was a
15 stabbing, six stab wounds, et cetera?

16 A I knew it was a stabbing. I don't know
17 how many stab wounds, things of that nature. No, I
18 do not know.

19 Q When you tested the -- swabbed the
20 scissors, and you ran your DNA test, and you came
21 up with this one -- or these three alleles at
22 location vWA, did you consider swabbing the
23 scissors again and retesting to see whether or not

1 there might be more DNA there for you to test?

2 A Actually, from that specific stained
3 area, I feel that I thoroughly swabbed it, that
4 there is nothing to go back and obtain from that
5 specific area, Stained Area B.

6 Q Are you familiar with a DNA test that's
7 called a microchondrium (phonetic)?

8 A Mitochondrial DNA testing?

9 Q Yes.

10 A Yes.

11 Q What is that?

12 A That's just another form of DNA testing
13 that can be done.

14 Q Is that more sensitive than PCR?

15 A Actually, mitochondrial testing is
16 utilized whenever you are unable to obtain DNA
17 testing results like I have in this case.

18 If you were unable to get results like
19 this, then mitochondrial testing is another test
20 that could be done.

21 Q And did you do a mitochondrial test on
22 this after you got these results?

23 A First of all, I do not do --

1 Q You can answer that yes or no.

2 A No.

3 Q Now, in the early days of DNA analysis
4 and testing for forensic purposes, we were using
5 what was called restriction fragment length
6 polymorphism, RFLP, were we not?

7 A Yes.

8 Q Was that in the '80s?

9 A Yes.

10 Q And since that time we have dropped the
11 science of DNA testing as developed with the PCR?

12 A Yes.

13 Q And that is a much more sophisticated
14 means of testing than RFLP?

15 A I don't know if I could say more
16 sophisticated. There are benefits to PCR testing.
17 They are both very sophisticated tests.

18 Q You don't need nearly as much DNA in PCR
19 as you need in the RFLP. Is that correct?

20 A That is correct.

21 Q And the science of forensic and
22 particularly in the area of DNA is progressing
23 every year, is it not?

1 A Yes.

2 Q And would you expect as an expert in the
3 field that the DNA will become more sophisticated
4 and more sensitive as time goes on?

5 A I believe there will always be
6 improvements in the field of sciences continually
7 having research.

8 Q And would you consider the mitochondrial
9 test a more sensitive test than PCR?

10 A Because I do not conduct mitochondrial
11 DNA myself, I'm limited by what others tell me, so
12 I cannot give a personal experience type answer.

13 But there are situations when there is an
14 inability to get results with the traditional DNA
15 testing as I have done, and mitochondrial DNA is a
16 possibility. But it is not something that should
17 be replacing the current DNA testing that I do
18 because they are looking at two different types of
19 DNA.

20 Q I agree. It shouldn't replace it.

21 But if you are talking about a murder
22 case and you get what you determine are
23 inconclusive results in DNA, wouldn't be it prudent

1 to go on to the next step and use a more sensitive
2 test in order to determine the DNA?

3 A For me to answer that, I would have to
4 explain a little bit more about mitochondrial and
5 how it's inherited only from your mother. So it's
6 not like the type of DNA test where I get from both
7 your mother and your father, so there is a lot of
8 other issues about inclusions and conclusions that
9 can be drawn.

10 Q But it is a more -- it's used very often
11 when in the PCR you don't get conclusive results,
12 is it not?

13 A When you are unable to obtain any
14 results -- as I do have results in this particular
15 case -- mitochondrial DNA testing is something that
16 can be utilized.

17 Q Ms. Palmer, I'm going to ask you to look
18 at what is marked as Commonwealth's Exhibit 18-A,
19 and I'm not going to ask you to touch it, but I ask
20 you to look it and ask you if you can identify it.

21 A I recognize this item by the unique
22 laboratory number and my initials right here. And
23 this was submitted to me as a shirt.

1 Q And did you perform an analysis on this
2 shirt?

3 A Yes, I did.

4 Q What analysis did you perform?

5 A I looked at this item for the presence of
6 blood.

7 Q And what conclusions, if any, were you
8 able to reach after your analysis?

9 A I did not find any blood on that shirt.

10 Q Now, did you make any other observations
11 about the shirt when you received it?

12 A Yes. I noted whether there were hairs or
13 fibers present as well as the general condition of
14 the garment.

15 Q And what was the general condition?

16 A There were stains noted, and the shirt I
17 had had a smell of body odor.

18 Q Based on your experience and analysis,
19 would you testify or would you believe that that
20 shirt had not been recently washed?

21 A I'm sorry. That is -- I can't -- I'm not
22 an expert in telling you when it was washed or not.

23 Q Well, it was a soiled shirt, was it not?

1 A Yes, there were stains noted on it.

2 Q There were stains on it.

3 A Right.

4 Q And you can't draw any conclusions about
5 whether it was recently washed or not?

6 A Sir, I can't tell what recent is. I
7 mean, perhaps those stains were just put on after
8 it was just recently washed.

9 Q But he had body odor?

10 A Yes, I did detect the smell of body odor.

11 Q Stains?

12 A Yes, I noticed stains.

13 Q Yet no blood?

14 A No blood.

15 Q Did you -- how did you analyze the
16 garment?

17 A That item, I visually look for the
18 stains, and then I chemically test for any areas
19 that possibly could be blood.

20 Q And you found no blood?

21 A That is correct.

22 Q I'm going to ask you to look at what has
23 been marked as Commonwealth's Exhibit 18-C.

1 A I recognize this again by the unique
2 laboratory number and my initials here, and they
3 were a pair of black jeans.

4 Q And what analysis or tests did you run on
5 the black jeans?

6 A Once again, I was looking for the
7 presence of blood on this item.

8 Q And did you find any blood?

9 A No, I did not.

10 Q And did you make any notations about the
11 condition of the jeans?

12 A Yes, I did.

13 Q What were they?

14 A I noted that there were hair fibers
15 present and that they were relatively clean with
16 few stains.

17 Q Did you ever make any comparisons on the
18 hair fibers with Mr. Dicks or anyone else?

19 A I am only able to note whether hairs
20 and/or fibers are present, not even if they are
21 hairs or if they are fibers. So none of those
22 exams were conducted.

23 Q I'll ask you to look at a package,

1 Commonwealth's Exhibit 18-D.

2 A Once again, I recognize this by the
3 unique laboratory number and my initials as a pair
4 of shoes.

5 Q And are these the shoes that were --

6 A Yes.

7 Q And what analysis did you do on the
8 shoes?

9 A Once again, I was looking for the
10 presence of blood.

11 Q And did you detect any blood on the
12 shoes?

13 A No, I did not.

14 Q Did you make any notations about the
15 general condition of the shoes when you examined
16 them?

17 A Yes, I did.

18 Q What was that?

19 A That they were well worn and stained.

20 Q How many -- in how many homicide cases
21 have you been asked to analyze material, shirts or
22 clothing for blood stains?

23 A I'm sorry. I have been doing this for

1 ten years, so I couldn't tell you.

2 Q A lot?

3 A Yes.

4 Q A lot.

5 And have you analyzed in cases where
6 there have been stabbings such as in this?

7 A I have worked homicides where there have
8 been stabbings, yes.

9 Q Well, in the particular case, the
10 evidence has been presented that Mr. Dicks was
11 stabbed six times with a pair of scissors, that
12 there was a considerable amount of blood on his
13 shirt when he was observed being stabbed by two
14 individuals.

15 Two people saw him being stabbed, and
16 they saw blood on his shirt. And he was stabbed
17 six times.

18 Now, based on your experience in
19 situations such as that -- there was a scuffle
20 between two men, and one man got stabbed -- based
21 on your experience, would you believe or would you
22 expect to find a blood transfer from the victim to
23 perpetrator under those circumstances?

1 A In all my years of experience, the one
2 thing I have learned is not to have expectations as
3 to what evidence may exist or not exist.

4 Q Well, Ms. Palmer, do you remember
5 speaking with me about a month ago?

6 A Yes, sir.

7 Q And do you remember me asking you the
8 very same question?

9 A I think you proposed it as a
10 hypothetical, sir.

11 Q Hypothetical. And what was your response
12 to the hypothetical?

13 A You said that if you were wearing that
14 specific shirt and you had stabbed someone, was
15 there a possibility that blood could be there.
16 That's a possibility.

17 Q No. I asked you if you would expect to
18 find blood on that shirt, did I not?

19 A Sir, I interpreted it as could it be a
20 possibility, and I would say it's a possibility,
21 not an expectation.

22 I know I told you that I do not have any
23 expectations, but it is a possibility.

1 MR. RUCKER: Judge, may we have a side
2 bar?

3 (Whereupon, a bench conference is held
4 between the Court and counsel out of the hearing of
5 the jury.)

6 MR. RUCKER: Judge, I'm no fool.

7 I asked this lady a month ago,
8 Ms. Palmer, would you expect, and I gave her the
9 hypothetical, if stabbed, this shirt being worn by
10 the perpetrator, would you expect there to be a
11 blood transfer from the victim to the perpetrator.
12 The answer unequivocally was yes.

13 Now, she is hedging her bets on me, and
14 I'm going to have to ask the Court to let me take
15 the stand.

16 MS. WALKER: You can't do that.

17 MR. RUCKER: I can now. She has made a
18 statement to me that she has now retracted.

19 MS. WALKER: Are you sure you phrased it
20 that way?

21 MR. RUCKER: I phrased it precisely that
22 way.

23 THE COURT: The only way you could do

1 that would be to withdraw and make yourself a
2 witness.

3 MR. RUCKER: I think there is case law to
4 the effect that if something comes up in the middle
5 of the trial that was unexpected and unanticipated
6 that I can testify.

7 THE COURT: I don't think it would be
8 appropriate, Mr. Rucker, for you to do that.

9 MR. RUCKER: Well, note my exception.

10 (Whereupon, the bench conference is
11 concluded, and the proceedings resumed in open
12 court as follows:)

13 BY MR. RUCKER:

14 Q My recollection of the question to you
15 was, Ms. Palmer --

16 MS. WALKER: Your Honor, he is
17 testifying.

18 THE COURT: Sustained.

19 BY MR. RUCKER:

20 Q Ms. Palmer, is it possible on the
21 scenario that I have explained to you earlier about
22 the stabbing and the fight between the two men,
23 would blood be transferred from the victim to the

1 perpetrator?

2 A That is a possibility.

3 Q And based on your experience, isn't that
4 why the Commonwealth sends that clothing to you to
5 see if that has not occurred?

6 A Yes, I would assume so.

7 MR. RUCKER: Thank you, Ms. Palmer. I
8 have no further questions.

9 THE COURT: Anything else?

10 MS. WALKER: A couple of quick questions.

11 REDIRECT EXAMINATION

12 BY MS. WALKER:

13 Q Does the lab use the mitochondrial system
14 that you were talking about?

15 A No. We do not offer that type of DNA
16 testing.

17 Q Is there a reason?

18 A Because we are able with PCR to, and the
19 type of testing we have, to obtain results. And it
20 is very -- I have yet to have a case that needed
21 have mitochondrial DNA testing because you do get,
22 as in this case, DNA results.

23 But there are those instances where you

1 are unable to, and this then can be sent out to a
2 private lab or another lab to have testing done.

3 But I have yet to have a case like that.

4 Q When you take samples, do you try to
5 preserve some extra samples?

6 A If at all possible, yes, we do.

7 Q Why?

8 A So that the defense can retest if need
9 be.

10 Q Did anybody ask that that be done?

11 A No, they did not.

12 Q And bottom line on the alleles, in asking
13 you about the alleles in the chart is that the only
14 result you have is that there is a weak 17 at that
15 particular loci; is that correct, along with what
16 matches up with Mr. Dicks?

17 A Yes.

18 Q And the defendant does have a 17 allele?

19 A Yes, he does.

20 MS. WALKER: Thank you.

21 MR. RUCKER: One question.

22 RECROSS-EXAMINATION

23 BY MR. RUCKER:

1 Q This mitochondrial test, that's available
2 in the lab here locally, is it not?

3 A Not from the State of Virginia.

4 Q Did you say there is no lab in Virginia
5 that does that test?

6 A In the Division of Forensic Science, no
7 there's not.

8 Q Are there private labs that do that
9 testing in Virginia?

10 A I don't know. I know there are private
11 labs in the Washington DC area that conduct
12 mitochondrial. I don't know if the ones in
13 Virginia do.

14 THE COURT: Anything else?

15 MS. WALKER: No, Your Honor.

16 THE COURT: May the witness be excused?

17 MS. WALKER: Yes.

18 THE COURT: Do you want to go to lunch?
19 Are you having enough time for lunch, or do you
20 want more?

21 See you at 2 o'clock. Have a good lunch.
22 (Jury was excused for lunch and left the
23 courtroom.)

1 THE COURT: How many more witnesses do
2 you have today?

3 MS. WALKER: Seven.

4 THE COURT: Long? Short?

5 MS. WALKER: Well, some will be longer
6 than others, but we will finish today.

7 THE COURT: Okay. Recess until 2.

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23